

1

2

3

4

UNITED STATES DISTRICT COURT  
CENTRAL DISTRICT OF CALIFORNIA  
SOUTHERN DIVISION

5

6

7

8

HONORABLE DAVID O. CARTER, JUDGE PRESIDING

9

- - - - -

10

ECHOSTAR SATELLITE CORP., )  
et al., )  
Plaintiffs, )

11

12

vs. )

No. SACV-03-950-DOC  
DAY 2

13

NDS GROUP PLC, et al., )  
Defendants. )

14

15

16

17

18

(Testimony of David Mordinson)

19

REPORTER'S TRANSCRIPT OF PROCEEDINGS

20

Santa Ana, California

21

April 10, 2008

22

SHARON A. SEFFENS  
Federal Official Court Reporter  
United States District Court  
411 West 4th Street, Room 1-053  
Santa Ana, California 92701  
(714) 543-0870

23

24

25

SHARON SEFFENS, U.S. COURT REPORTER

1

2

3

I-N-D-E-X

4

PAGE

5

PLAINTIFF'S  
WITNESS:

DIRECT

CROSS

REDIRECT

RE CROSS

†

7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SHARON SEFFENS, U.S. COURT REPORTER

3

1 SANTA ANA, CALIFORNIA; THURSDAY, APRIL 10, 2008; A.M.  
2 SESSION  
3 (Jury present.)  
4 DAVID MORDINSON, PLAINTIFF'S WITNESS, SWORN  
5 THE COURT: would you please be seated here in the  
6 witness box which is just to my left.  
7 Once again there is an interpreter with the  
8 gentleman.  
9 Could I get the interpreter's name, please?  
10 THE INTERPRETER: Hebrew interpreter Ayalla  
11 Dollinger. My oath is on file.  
12 THE COURT: Thank you very much.  
13 Mr. Mordinson, do you speak any English?  
14 THE WITNESS: Yes, I do.

15 THE COURT: Do you need the services of the  
16 interpreter throughout your entire testimony, or do you feel  
17 capable of testifying in English and then if you need  
18 further translation or help relying upon the interpreter?

19 THE WITNESS: I will use the services of the  
20 interpreter during the course of my testimony.

21 THE COURT: Thank you.

22 This will be direct examination then by Mr. Hagan  
23 on behalf of Echostar of Mr. Mordinson.

24 Mr. Mordinson, would you state your full name,  
25 sir.

SHARON SEFFENS, U.S. COURT REPORTER

4

16:00:56 1 THE WITNESS: David Mordinson.

2 THE COURT: would you spell your last name, sir.

3 THE WITNESS: M-o-r-d-i-n-s-o-n.

4 THE COURT: It appears that you speak very good  
5 English. why don't we have the interpreter here, and if you  
6 need her services, then we will rely upon them.

7 THE WITNESS: I would like to be sure that my  
8 answers are exact and I understand the questions exactly to  
9 provide a truthful answer.

10 THE COURT: All right, thank you.

11 Counsel, your direct examination.

12 DIRECT EXAMINATION

13 BY MR. HAGAN:

14 Q Good afternoon, Mr. Mordinson.

15 A Good afternoon.

16 Q My name is Chad Hagan. I am one of the lawyers  
17 representing plaintiffs EchoStar and NagraStar in this  
18 litigation.

19 We have met before haven't we, sir?

20 A Yes.

21 Q In fact, we met on two separate occasions when we  
22 conducted your deposition for almost 14 hours; is that

23 correct?

24 A Yes.

25 Q And through the course of that deposition, you

SHARON SEFFENS, U.S. COURT REPORTER

♀

5

16:02:02 1 testified the majority of the time in English?

2 A Yes.

3 Q And if today just as in your deposition -- if I ask a

4 question and you don't understand the question, you can

5 either ask me to clarify, or you can certainly use the

6 interpreter that you brought with you today?

7 A Can you ask that question again?

8 Q Sure. Let me go slower.

9 If I ask you a question and you don't understand it or  
10 you need the interpreter, then just let us know, and we will  
11 pause for you?

12 A I will.

13 Q Mr. Mordinson, you are employed by the defendants in  
14 case, case?

15 A Yes.

16 Q What is your official title with the defendants?

17 A At the moment?

18 Q Yes, sir.

19 A I am a technical consultant for NDS marketing Europe  
20 and Israel.

21 Q When did you first start working for the defendants?

22 A I started working for NDS in September 1997.

23 Q And you started at NDS Israel's office; is that  
24 correct?

25 A Yes.

SHARON SEFFENS, U.S. COURT REPORTER

♀

6

16:03:11 1 Q And you started there as an engineer?

2 A Yes.

3 Q When you started to work for the defendants, they  
4 didn't tell you at that time that part of your job would be  
5 hacking into their competitors' technology; is that correct?

6 A I was hired as a software engineer and security analyst  
7 which I was supposed to be a security analyst and incur also  
8 hacking into security systems.

9 Q Now, as you understood it, when you started working for  
10 NDS in 1997, they were in the business and still are of  
11 providing conditional access technology, correct?

12 A Yes, that's correct.

13 Q And one of NDS's largest clients, especially in the  
14 United States, is DirectTV?

15 A Yes.

16 Q NDS competes in the conditional access market with the  
17 plaintiff NagraStar?

18 A With Nagra Vision.

19 Q And NagraStar is Nagra Vision's United States presence  
20 as you understand it, correct?

21 A Yes.

22 Q So in the United States, NDS competes directly with  
23 NagraStar?

24 A Yes.

25 Q NagraStar's largest client as you understood it at the

SHARON SEFFENS, U.S. COURT REPORTER

♀

7

16:04:49 1 time of your deposition in the United States is the  
2 plaintiff Echostar?

3 A Yes.

4 Q Echostar competes with DirectTV to provide satellite  
5 television?

6 A Yes.

7 Q Now, when you started with NDS in 1997, it's fair to  
8 say that the defendants' encryption technology was  
9 compromised, correct? I can rephrase.

10 when you started working for NDS in 1997, you

11 understood as an engineer for the company at that time that  
12 the defendants' technology was compromised or hacked,  
13 correct?

14 A Correct.

15 Q And you knew that that technology was hacked both in  
16 the United States and in European countries, correct?

17 A Yes.

18 Q And NDS engaged in two things to try to get their  
19 technology a little bit better as you understood it,  
20 correct?

21 A Can you be more specific?

22 Q During the 1997 time frame when you started to work for  
23 NDS, the technology that NDS provided was hacked, correct?

24 A Yes.

25 Q And NDS took certain steps to try to improve that

SHARON SEFFENS, U.S. COURT REPORTER

♀

8

16:06:14 1 technology, correct?

2 A Correct.

3 Q One of those steps was to hire some of the hackers that  
4 were responsible for hacking into that technology, correct?

5 A I don't know what you are talking about. Can you name  
6 some?

7 Q Certainly.

8 You are familiar with the name Christopher Tarnovsky  
9 and Oliver Kommerling aren't you, sir?

10 A Yes.

11 Q And you understood that Chris Tarnovsky and Oliver  
12 Kommeling were hired to come and work for NDS, correct?

13 A Chris Tarnovsky, yes, I knew him as an NDS employee.  
14 Oliver Kommeling, I didn't know if he was an NDS employee or  
15 not.

16 Q You understood that Mr. Kommerling had a special  
17 relationship with NDS; is that correct?

18 A Please define "special relationship."

19 Q You understood that Mr. Kommerling was doing consulting  
20 work for NDS?

21 A That's my understanding.

22 Q And you understood that at that time -- withdraw. You  
23 understood that prior to NDS hiring Mr. Kommerling he was  
24 engaged in illegal hacking activity, correct?

25 A I know that.

SHARON SEFFENS, U.S. COURT REPORTER

♀

9

16:07:34 1 Q And NDS hired Mr. Kommerling in '97 -- or at least  
2 retained some type of consultancy agreement with him in '97,  
3 and Mr. Kommerling taught you and some of the other  
4 engineers in Haifa how to reverse engineer and hack?

5 A That's correct.

6 Q In fact, Mr. Kommerling has notoriety in this area  
7 because he has published an article with an individual named  
8 Marcus Koon. Are you familiar with that article?

9 A Yes, I know of this article published by Oliver  
10 Kommerling together with Marcus Koon.

11 Q You recall that the name of that publication was  
12 "Design Principles of Tamper-Resistant Smart Cards"?

13 A Possibly, yes.

14 Q And you understood that Mr. Kommerling published this  
15 article prior to forming a consultancy relationship with  
16 NDS, correct?

17 A According to my knowledge, this article was published  
18 in 1999.

19 Q So two years after he started working?

20 A Yes.

21 Q And is it fair to say that Mr. Kommerling taught you  
22 and Zvi Shkedy certain techniques to attack the computer  
23 chip or microcontroller that is contained within Smart  
24 Cards?

25 A It was mostly to Zvi Shkedy, not to me.

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:09:13 1 Q And those are some of the invasive attacks that Haifa  
2 Research Center used?

3 A Yes.

4 Q In addition to the defendants hiring some of these  
5 hackers in 1997, they also engaged in reverse engineering  
6 and hacking its competitors' technology?

7 A Correct.

8 Q And you were principally involved in those efforts  
9 weren't you?

10 A I was.

11 Q You and the gentleman that the jury heard from this  
12 morning, Zvi Shkedy?

13 A Yes.

14 Q If I understand it correctly, Mr. Shkedy was the  
15 defendants' engineer that reverse engineered the hardware on  
16 the chip, correct?

17 A Yes.

18 Q In other words, he popped that chip out and used some  
19 of the techniques taught by Mr. Kommerling to pull the  
20 code -- the secret encryption code out of that chip; is that  
21 correct?

22 A I would not agree with the definition of "secret  
23 encryption code."

24 Q Let's briefing go through the steps from your  
25 deposition.

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:10:21 1 A Okay.

2 Q Mr. Shkedy first pulled the chip, the CPU or  
3 microcontroller, off of Echostar's access card?

4 A Yes.

5 Q After he did that, he used nitric acid to dissolve the  
6 epoxy that was surrounding that chip?



- 7 A Yes.
- 8 Q Once he did that, he used hydrofluoric acid to delayer  
9 the chip?
- 10 A Yes.
- 11 Q Then he used an optical microscope to analyze the fine  
12 layers that he had decapsulized from the chip?
- 13 A Yes.
- 14 Q After he did that, Mr. Shkedy engaged in what you  
15 called a biological process where he used his mind to  
16 analyze the chip, correct?
- 17 A Yes.
- 18 Q Then Mr. Shkedy used a FIB, or what we have referred to  
19 as a focus ion beam, in order to disconnect the instruction  
20 latch and expose the data bus on that chip?
- 21 A In this particular chip, yes.
- 22 Q And these are some of the same invasive attack  
23 techniques that Mr. Kommerling had taught you and Zvi  
24 Shkedy?
- 25 A Yes.

SHARON SEFFENS, U.S. COURT REPORTER

‡

12

- 16:11:42 1 Q Now, once Mr. Shkedy was able to pull the code out of  
2 Echostar's microcontroller, that's where you came in; is  
3 that right?
- 4 A Yes.
- 5 Q You were a software engineer?
- 6 A Yes.
- 7 Q And it was your job on behalf of the defendants to take  
8 that code and disassemble it, correct?
- 9 A Yes.
- 10 Q Can you explain to the jury what disassembling of code  
11 means?
- 12 A Disassembling of code consists of turning the binary  
13 code, or what was written in machine language which is not  
14 understandable by humans, into kind of a language that can

15 be understandable by humans and can be analyzed. This  
16 process is called disassembling.

17 Q During the course of your work -- let me back up for a  
18 second. The project that you and Zvi Shkedy worked on for  
19 the defendants where you reverse engineered Echostar's  
20 Conditional Access System was referred to as to the Headend  
21 Project; is that correct?

22 A Yes.

23 Q Now, your portion of the Headend Project, about how  
24 many months did that take?

25 A I started to work on the code that Zvi Shkedy extracted

SHARON SEFFENS, U.S. COURT REPORTER

♀

13

16:13:07 1 from the chip in April -- I believe it was in the beginning  
2 of April 1998. It took me almost four months -- or  
3 four-and-a-half months to get a complete understanding of  
4 this code, and after that I prepared a report about the  
5 findings on this project.

6 Q During your work on the Headend Project, in addition to  
7 disassembling the code, you also analyzed that code?

8 A Yes.

9 Q In order to perform that analysis, you created certain  
10 software applications?

11 A Yes.

12 Q One of those software applications was a program called  
13 SC Talk, correct?

14 A Yes.

15 Q And the SC stands for Smart Card?

16 A Yes.

17 Q What was the purpose of creating that application?

18 A The SC Talk application was helping to communicate with  
19 the Smart Card.

20 Q You also developed a software for purposes of the  
21 Echostar project called Get ATR, correct?

22 A Yes.

23 Q What does the ATR stand for?

24 A ATR stands for after to recent. According to the  
25 standard, which every Smart Card should comply with, the

SHARON SEFFENS, U.S. COURT REPORTER

♀

14

16:14:46 1 first message that Smart Card sends in response to a recent  
2 signal to the device that reads the -- hosts the Smart Card  
3 is a short message. This message is a standard message.

4 THE COURT: Move the microphone just a little  
5 closer to you. Thank you.

6 BY MR. HAGAN:

7 Q Now, SC Talk and Get ATR were not the only software  
8 applications that you personally developed for use in the  
9 Echostar project, correct?

10 A Yes.

11 Q You also developed a software application that you  
12 referred to as Sniff Host?

13 A Yes.

14 Q And can you explain to the jury what the purpose of  
15 that application was?

16 A Sniff Host was an application that was communicating  
17 with a sniffer device, therefore the name, and this  
18 application was responsible to get information from a  
19 sniffer device and record it on a file on a disk of a  
20 personal computer.

21 Q Now, did you develop any other software applications  
22 for purposes of the Echostar project other than Sniff Host,  
23 Get ATR, and SC Talk?

24 A As far as I recall, I developed also file forma  
25 converters, which had to convert data from one forma to

SHARON SEFFENS, U.S. COURT REPORTER

♀

15

16:16:21 1 another.

2 Q And that software application was used to convert some

3 of the code that Zvi Shkedy had extracted from the card,  
4 correct?

5 A Among other things, yes.

6 Q Now, did anyone assist you in developing these software  
7 applications that you used and the defendants used in  
8 reverse engineering EchoStar's technology?

9 A No.

10 Q You developed those software applications as part of  
11 your work for the defendants?

12 A Yes.

13 Q You developed those software applications specifically  
14 for use in reverse engineering work that you did for the  
15 defendants, correct?

16 A Yes.

17 Q Have you patented any of these software applications?

18 A I'm sorry?

19 Q Have you applied for patents on any of the software  
20 applications that you developed?

21 A According to my knowledge, software is not subject for  
22 patenting.

23 Q Have you applied for any sort of intellectual property  
24 rights for the these software applications that you  
25 developed?

SHARON SEFFENS, U.S. COURT REPORTER

♀

16

16:17:26 1 A I'm sorry. Can you repeat that?

2 Q Have you made an application for any intellectual  
3 property rights for the software applications that you  
4 developed for use in the Echostar project?

5 A No.

6 Q Once you were able to disassemble the code, you  
7 analyzed Echostar's code in order to try to out the memory  
8 locations?

9 A Yes.

10 Q You mapped out for instance where the RAM or Random

11 Access Memory starts?

12 A Yes.

13 Q You mapped out where the ROM and EEPROM memory  
14 locations are?

15 A Yes.

16 Q Can you explain to the jury the difference between ROM  
17 and EEPROM that you analyzed from Echostar's code?

18 A Certainly. ROM stands for read on the memory. This  
19 memory is programmed in the production stage and cannot be  
20 modified during the lifetime of the Smart Card or the chip.  
21 EEPROM stands for electronically erasable programmable read  
22 on the memory, which can be modified anytime and data  
23 retained in this memory even if the power supply is  
24 disconnected.

25 Q Now, once you have mapped out the memory locations, you

SHARON SEFFENS, U.S. COURT REPORTER

♀

17

16:19:07 1 began to study and analyze those memory locations as part of  
2 your work on the reverse engineering project, correct?

3 THE INTERPRETER: I'm sorry, counsel. Can you  
4 repeat the question again?

5 BY MR. HAGAN:

6 Q Once you mapped out the memory locations from  
7 Echostar's code, you then analyzed those memory locations  
8 and the ROM, RAM, and EEPROM code contained within them?

9 A Actually, the code is contained on the ROM and EEPROM.  
10 RAM does not contain any code because it's Random Access  
11 Memory, and its content is lost when the power supply is  
12 disconnected.

13 Q Thank you. You are the expert in this case. Let me  
14 rephrase my question.

15 Once you mapped out the ROM and EEPROM, you analyzed  
16 both those memory locations and the code as part of your  
17 work for the defendants, correct?

18 A Yes.

19 Q And one of the reasons that you analyzed that code was

20 to determine whether or not there were vulnerabilities or  
21 weaknesses in Echostar's security system, correct?

22 A Yes.

23 Q And, in fact, that was the main goal to use your words  
24 of the Headend Project, correct?

25 A No.

SHARON SEFFENS, U.S. COURT REPORTER

‡

18

16:20:46 1 Q What was the main goal then?

2 A The main goal of this project was to get the complete  
3 understanding of the Echostar -- Nagra Conditional Access  
4 System. That's it.

5 Q When you say Nagra, you are referring to Echostar's  
6 system supplied by NagraStar?

7 A Yes.

8 Q The plaintiffs in this case?

9 A Yes.

10 Q Now, once you extracted the code, converted the code,  
11 analyzed the code, you were able to determine that there  
12 were certain inherent flaws or vulnerabilities in that code;  
13 isn't that correct?

14 A Yes.

15 Q And one of those was the IO buffer overflow?

16 A It's not exactly that.

17 Q Are you familiar with the term "IO buffer overflow"?

18 A Yes.

19 Q In fact, that term is in the document that you created,  
20 the Headend Report, that we looked at earlier today?

21 A Yes.

22 Q And IO stands for "input/output"; is that correct?

23 A Yes.

24 Q Explain to the jury what you mean by buffer overflow.

25 A Certainly. The buffer overflow technique or IO buffer

SHARON SEFFENS, U.S. COURT REPORTER

‡

16:22:04 1 overflow technique consists of filling the IO buffer, which  
2 is supposed to store the data that is passed through the  
3 Smart Card, so filling this buffer -- this extra information  
4 will override the data which is located after -- in memory  
5 located after this IO buffer is placed in it. In this way,  
6 somebody can modify the data which was not supposed to be  
7 modified in RAM.

8 Q Now, the microprocessor that the defendants used at  
9 this time didn't have an IO buffer overflow vulnerability  
10 did it?

11 A No.

12 Q So studying that particular vulnerability in Echostar's  
13 system certainly didn't help NDS improve its technologies in  
14 any way, correct?

15 A Okay, yes.

16 Q In addition, you also determined through your analysis  
17 of Echostar's system that you could execute code in the RAM  
18 portion of the memory; is that correct?

19 A Yes, that's correct.

20 Q And what do you mean by executing code in RAM? Can you  
21 explain that to the jury?

22 A Codes, which means machine instructions that the media  
23 processor of the Smart Card is executing, they allocate it  
24 in memory and are fetched one by one by the microprocessor.  
25 These instructions can be located in memory which can be

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:23:46 1 RAM, ROM, or EEPROM in this particular architecture, so  
2 putting codes or putting instructions into RAM isn't  
3 different from putting them into ROM or into EEPROM. All it  
4 takes is just to make the microprocessor execute them.

5 Q And you determined from the defendants' analysis and  
6 your analysis of Echostar's security system that you could

7 execute code in the RAM portion of the card, correct?  
8 A Yes.  
9 Q That includes malicious or pirate code?  
10 A Not necessarily.  
11 Q In fact, you used some of that type of code in order to  
12 dump the EEPROM, for example?  
13 A I used code to dump EEPROM.  
14 Q And you executed that code in the RAM portion of the  
15 memory?  
16 A Yes.  
17 Q Now, you also determined from reverse engineering  
18 Echostar's security system that there was an inherit  
19 characteristic called RAM ghosting effect or address  
20 aliasing; is that correct?  
21 A RAM ghost effect, yes.  
22 Q Can you explain to the ladies and gentlemen what RAM  
23 ghost effect is?  
24 A Actually, it's more general than RAM ghost effect.  
25 It's memory ghost effect. A good analogy for this can be if

SHARON SEFFENS, U.S. COURT REPORTER

♀

21

16:25:39 1 you look at the number of a house at a particular address  
2 and you just ignore, for example, the hundred of this number  
3 and look only at 10 and the rest, the last two digits of the  
4 house -- if you are delivering correspondence, for example,  
5 to house No. 101 or 201 or No. 1, the oldest correspondence  
6 will arrive exactly at the same house because there is no  
7 difference between those addresses if you ignore the  
8 hundred.  
9 It's similar in a microprocessor. When you address  
10 memory, you have to specify the number of the house that you  
11 are addressing it to. If you ignore the major part of the  
12 address -- the more significant part of the address, you  
13 will get -- it will get to the same location even if you  
14 address it to a different location.  
15 Q Now, it took me quite a bit of time to understand this



16 concept in the deposition, so let me see if I have it right  
17 now, and let's try to explain it to the jury in a way that  
18 they can get their arms around it.

19 Your hacking and reverse engineering of Echostar's  
20 security system identified three characteristics: the IO  
21 buffer overflow, the RAM ghost effect, and the ability to  
22 execute code in RAM, correct?

23 A The third is a particular technique that utilizes the  
24 latter two. I would say the memory ghost effect or memory  
25 analyzing and the possibility to execute code from RAM. IO

SHARON SEFFENS, U.S. COURT REPORTER

♀

22

16:27:58 1 buffer overflow is the technique that used these two  
2 vulnerabilities.

3 Q So the IO buffer overflow was the exploit or the  
4 technique that you were able to use in order to leverage the  
5 other two vulnerabilities: the RAM ghost effect and the  
6 executing code in RAM, correct? Do you want me to repeat  
7 it?

8 THE INTERPRETER: Yes.

9 BY MR. HAGAN:

10 Q Utilizing the IO buffer, in other words, overflowing  
11 that buffer was the method that you discovered to leverage  
12 both the RAM ghost effect and the ability to execute code in  
13 RAM?

14 THE COURT: You may have to break that down if  
15 it's being translated. Let's make sure the translator got  
16 that.

17 THE WITNESS: What do you mean by leverage?

18 MR. HAGAN: Let me try to rephrase it.

19 BY MR. HAGAN:

20 Q You developed a technique in order to hack Echostar's  
21 ROM 3 access card, correct?

22 A Yes.

23 Q And that technique included overflowing the

24 input/output buffer, correct?

25 A Yes.

SHARON SEFFENS, U.S. COURT REPORTER

♀

23

16:29:22 1 Q Once you overflowed the buffer, you were able to use  
2 the RAM ghosting effect or address aliasing to remap code  
3 that overflow the buffer to other parts of the RAM memory  
4 locations, correct?

5 A Let me explain it in my way.

6 Q Certainly.

7 A As I explained the principles of IO buffer overflow, by  
8 overflowing the buffer, I was able to implant code or put  
9 the code into the RAM and make the microprocessor execute  
10 it, and the basic of this method or technique was the memory  
11 ghost effect and the possibility to execute code from RAM.  
12 That's it.

13 Q You also -- in addition to the IO buffer overflow, the  
14 RAM ghost effect, and the ability to execute code in RAM,  
15 you determined that there were two other characteristics for  
16 Echostar's card? Those were the index variable and  
17 exception handling; is that correct?

18 A I don't recall.

19 Q Are you familiar with the term "index variable" as it  
20 relates to Echostar's security system?

21 A I don't recall that. Sorry.

22 Q We will take a look at your Headend Report a little bit  
23 later, and we will see if it refreshes your recollection.

24 Once you finished your analysis of Echostar's security  
25 system, the code that had been extracted, you used that

SHARON SEFFENS, U.S. COURT REPORTER

♀

24

16:31:14 1 information to develop or construct a method to hack  
2 Echostar's card, correct?

- 3 A Yes.
- 4 Q Prior to doing that, though, you had to get some type  
5 of data communication logs from an Echostar receiver and a  
6 Echostar Smart Card, correct?
- 7 A Yes.
- 8 Q In order to get that information, you and Zvi Shkedy  
9 came over to the United States, correct?
- 10 A Yes.
- 11 Q When was that?
- 12 A The first time was in June 1998.
- 13 Q And you and Mr. Shkedy flew over to the United States  
14 from Israel, correct?
- 15 A Yes.
- 16 Q You flew over to the United States as part of your work  
17 for the defendants?
- 18 A Yes.
- 19 Q And you flew over to the United States pursuant to  
20 their instructions in fact, correct?
- 21 A Yes.
- 22 Q When you got to the United States, though, you didn't  
23 go to an NDS office did you?
- 24 A No, we didn't.
- 25 Q You didn't go to an NDS employee's home did you?

SHARON SEFFENS, U.S. COURT REPORTER

♀

25

- 16:32:25 1 A No.
- 2 Q In fact, you didn't go to any official establishment at  
3 all did you?
- 4 A We didn't.
- 5 Q You were instructed to go to two homes of private  
6 citizens that you didn't know, correct?
- 7 A We were instructed to go to one private home, and the  
8 second was our decision.
- 9 Q The second was your personal decision?
- 10 A Not my personal decision, but we experienced some  
11 difficulties in the first house, and, therefore, we had to

12 use an alternative location.

13 Q Let me back up for a second and make sure we understand  
14 this.

15 The defendants instructed you to fly over to the  
16 United States in order to log the data stream between one of  
17 my client's Smart Cards and their set-top-boxes, correct?

18 A Yes.

19 Q But they didn't tell you to go to any official  
20 establishment? They told you to go to this private  
21 residence?

22 A Yes.

23 Q Did you ask anyone why you weren't going to an NDS  
24 office or any type of official facility to conduct this  
25 research?

SHARON SEFFENS, U.S. COURT REPORTER

♀

26

16:33:39 1 A No, I didn't ask.

2 Q Is there any particular reason why not?

3 A No.

4 Q At your deposition, you told me that the reason was  
5 that it was obvious? The Headend Project was supposed to be  
6 kept secret, correct?

7 A Yes.

8 Q And not just the part of the project when you flew over  
9 to the United States with Mr. Shkedy but all aspects of the  
10 project, correct?

11 A Yes.

12 Q All the work that you did and Zvi Shkedy did on behalf  
13 of the defendants to hack Echostar's security system, you  
14 you were instructed to keep that secret?

15 A Yes.

16 Q When you got to the United States, you had to capture  
17 this data stream? You used a device that the defendants  
18 developed; is that right?

19 A Yes, we developed it.

20 Q That device is called the sniffer?

21 A Yes.

22 Q As I understand it, that device plugs into both the  
23 Echostar receiver and then has a slot for the Echostar Smart  
24 Card, correct?

25 A You don't describe it exactly, but in principle, that's

SHARON SEFFENS, U.S. COURT REPORTER

♀

27

16:34:48 1 it.

2 MR. HAGAN: Your Honor, can we set up a  
3 demonstrative in the well of the courtroom? I have spoken  
4 to Mr. Snyder about this at the break, and it's my  
5 understanding that the defendants do not have any objection.

6 THE COURT: As long as you both agree, I am more  
7 than pleased do that.

8 MR. SNYDER: If it's the one we were shown, Your  
9 Honor, we have no objection.

10 BY MR. HAGAN:

11 Q While we are going to get that, let's talk a little bit  
12 about this process that you and Zvi Shkedy engaged in when  
13 you came to the United States.

14 You hooked this device up, the sniffer, that the  
15 defendants developed to an Echostar subscriber's system,  
16 correct?

17 A Yes.

18 Q And that device was able to capture the data stream  
19 that went back and forth between the Echostar Smart Card and  
20 the Echostar receiver, correct?

21 A Yes.

22 Q And you needed to log that data as part of your efforts  
23 to hack Echostar's security system or at least to speed up  
24 the process?

25 A To speed up the process, yes.

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:36:29 1 Q Now, Mr. Mordinson, we heard Zvi Shkedy testify earlier  
2 today that the reason you had to come to the United States  
3 was because you weren't able to receive Echostar's encrypted  
4 signal from NDS's office in Israel; is that correct?

5 A Yes.

6 Q So you came over here to do it, right?

7 A Over here?

8 Q Over to the United States.

9 A Yes.

10 Q And when you got here, you set this equipment up, and  
11 you logged the data stream for what, 10 minutes, 20 minutes?

12 A I believe it was longer.

13 Q And you logged the data stream at a particular time?  
14 In other words, you didn't just log the data stream coming  
15 down from any program or any channel? You needed to log  
16 that data stream when the card was being paired or married  
17 to the receiver; is that correct?

18 A Yes.

19 Q Can you explain to the ladies and gentlemen of the jury  
20 what that process is for Echostar's system, the process of  
21 pairing or marrying a Smart Card with a receiver?

22 A The Smart Card as counsel said is married to the  
23 set-up-box in the way that the Smart Card and the set-up-box  
24 is the same key in order -- used in order to encrypt the  
25 communication -- certain communication between the Smart

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:37:58 1 Card and IOD after the authorization or after the pairing.

2 MR. HAGAN: Can we take a look at the picture that  
3 has been marked as Plaintiff's Demonstrative No. 5 I  
4 believe? It's a picture of the sniffer.

5 BY MR. HAGAN:

6 Q I am handing you a copy of what we have previously used  
7 in this trial as Plaintiff's Demonstrative No. 5. Can you

8 tell the jury what this is?

9 A Yes. From what I can see, I can see a sniffer device  
10 which is upside down of course for some reason. I see a  
11 Smart Card slot, and I see a tong, which is an adapter, and  
12 a flex cable connection between the devices.

13 Q This is the device that the defendants built?

14 A Yes.

15 Q If I understand it, the way this device works is you  
16 take Echostar's Smart Card, and you put it into one side of  
17 it? There is a slot for it?

18 A I can't hear you.

19 Q You put an Echostar Smart Card into the slot in this  
20 device that you call the sniffer?

21 A Correct.

22 Q And the other part of that device goes into the  
23 Echostar receiver?

24 A Yes, that's correct.

25 Q And the purpose of this device is to capture the data

SHARON SEFFENS, U.S. COURT REPORTER

♀

30

16:39:40 1 stream that goes between the receiver and the card?

2 A Yes.

3 Q Once you got that information, what did you do? What  
4 was the next step in your process to develop a hack for  
5 Echostar's security system?

6 A I analyzed messages running between the Smart Card and  
7 the set-up box because the communication between those  
8 devices consists of messages, so I couldn't distinguish  
9 between messages. By analyzing the software of the Smart  
10 Card, I could identify particular functions or particular  
11 blocks in the software which were responsible for parsing or  
12 processing those messages. In that way, it helped to speed  
13 up the process of analyzing.

14 THE COURT: Let's be careful with the terms.

15 Is a set-up box the same as the receiver?

16  
17 THE COURT: Well, then you are going to change it.  
18 You used receiver, and the gentleman used set-up box. I  
19 just want to make sure the jury understands that.  
20 BY MR. HAGAN:  
21 Q Let's use the term you are familiar with,  
22 "set-top-box."  
23 So the sniffer goes between the set-top-box and the  
24 card?  
25 A No.

SHARON SEFFENS, U.S. COURT REPORTER

31

♀  
†

16:41:07 1 Q Are there any other components outside of the access  
2 card, the set-top-box, the sniffer, and the computer that  
3 would you use to log the data?  
4 A According to my knowledge and according to what you  
5 represented, I can see that this device is connected in  
6 parallel to the communication line between the Smart Card  
7 and the set-up box.  
8 Q Is this the same device that you and Zvi Shkedy used in  
9 the United States?  
10 A I believe so.  
11 Q I will represent to you that your lawyers in this case  
12 produced this device for us to inspect. You don't have any  
13 reason to believe that they gave us a different device do  
14 you?  
15 A No.  
16 Q Now, once you had that logged data stream, you went  
17 back over to the defendants' office in Israel?  
18 A Yes.  
19 Q And you used that information as well as all of the  
20 other information that you and Zvi Shkedy developed in order  
21 to create a hack for Echostar's system?  
22 A In order to analyze the Echostar system.  
23 Q Well, you went a little bit further than that. Let's  
24 be fair.



25 You not only analyzed it, but you created a method to

SHARON SEFFENS, U.S. COURT REPORTER

♀

32

16:42:21 1 hack it?

2 A That's correct.

3 Q In fact, that's what you put in the Headend Report,  
4 among other things?

5 A Among other things, yes.

6 Q Now, once you developed that hack, you didn't stop at  
7 that point. You went a little bit further. You had to come  
8 back to North America to test that hack?

9 A Yes.

10 Q When was that?

11 A It was in September 1998.

12 MR. HAGAN: Permission to approach the well.

13 THE COURT: You may.

14 BY MR. HAGAN:

15 Q Again, in September '98, it was you and Zvi Shkedy that  
16 came back over here to the United States?

17 A I didn't hear you.

18 Q In September '98, the second trip, it was you and Zvi  
19 Shkedy again?

20 A Yes.

21 Q You came on this second trip as part of your work for  
22 the defendants?

23 A Yes.

24 Q They knew that you were coming over here to test the  
25 hack that you had developed?

SHARON SEFFENS, U.S. COURT REPORTER

♀

33

16:43:24 1 A Who?

2 Q The defendants. NDS knew that you and Zvi Shkedy were  
3 coming to the United States to test this hack?

4 A Yes.

5 Q When you got to the United States -- you flew from  
6 Israel to Baltimore?

7 A Yes.

8 Q What did you do next?

9 A In Baltimore, we had a rental car. We went to a house,  
10 private house, of Ms. Vared (phonetic). We took the set-up  
11 box from her, and we returned to Baltimore by the same car.  
12 We took a flight to Cleveland, and from Cleveland, we took  
13 another car, a rental car, and went to Windsor, Ontario,  
14 Canada.

15 Q Let me make sure I understand this. You flew to  
16 Baltimore, rented a car, and you went and picked up a  
17 Echostar receiver?

18 A Yes.

19 Q You didn't get that receiver from Echostar, right?

20 A No.

21 Q In fact, even though you could have purchased that  
22 receiver at Wal Mart or Target or Sears, you didn't do that  
23 either?

24 A No, we didn't.

25 Q You went to a private citizen's house to?

SHARON SEFFENS, U.S. COURT REPORTER

♀

34

16:44:53 1 A Yes.

2 Q One of the individuals that you came to the first time  
3 to capture the data stream between the receiver and the  
4 Smart Card?

5 A Yes.

6 Q You brought with you one of the Echostar Smart Cards  
7 that you had in the office in Israel?

8 A Yes.

9 Q You also brought a computer with you?

10 A Yes.

11 Q And on that computer, you had the hack software, the

12 program, that you had developed for Echostar's system,  
13 correct?

14 A No. I composed it later. Let me explain. When  
15 counsel are talking about the software -- actually the  
16 image, the hacking, the knowledge existed. For example, the  
17 method or the technique to override IO buffer and implement  
18 code into the Smart existed on my computer. It was not  
19 particularly ready for establishing the hack. The image or  
20 what was tested was composed at a later stage in Ontario,  
21 Canada.

22 Q So you actually finalized the development of the hack  
23 in North America?

24 A In Canada.

25 Q In North America?

SHARON SEFFENS, U.S. COURT REPORTER

35

16:46:17 1 A Yes.

2 Q During the second trip that you made to the  
3 United States into Canada?

4 A Yes.

5 Q So you picked the receiver. You got your Smart Card,  
6 and you got the software that you had developed, and you  
7 finalized that on this trip? You rented another car, and  
8 you drove across the border into Ontario?

9 A Yes.

10 Q And when you got to Ontario, you didn't go to an NDS  
11 office, right?

12 A There is no NDS office in Ontario.

13 Q You didn't go to any official office did you?

14 A No.

15 Q You went to a basement in someone's house that you  
16 didn't know, correct?

17 A I didn't know, yes.

18 Q You went to the basement?

19 A Yes.

20 Q And when you got to the basement of this person's house

21 you didn't know, you hooked up the Echostar receiver that  
22 you got in the United States to a satellite DISH like this  
23 one?  
24 A It was not the DISH network. It was Express View.  
25 Q Bell Express?

SHARON SEFFENS, U.S. COURT REPORTER

♀

36

16:47:18 1 A Bell Express.  
2 Q You understood at that time that Bell Express View also  
3 used the encryption technology developed and provided to  
4 Echostar by NagraStar?  
5 A I realized it later during the trip.  
6 Q So once you looked up the satellite DISH to the  
7 Echostar receiver, what did you do next?  
8 A I'm sorry?  
9 Q What did you do after you hooked up the Echostar  
10 receiver with the satellite DISH?  
11 A I took the Smart Card that I brought from Israel and I  
12 finalized the image or the memory -- the EEPROM memory  
13 content for this card. I wrote this image to the card, and  
14 then I inserted it into the set-up box.  
15 Q Does this look like one of the Echostar Smart Cards  
16 that you used?  
17 A No.  
18 Q What did the one you used look like?  
19 A It was a blue color with a lot of types of various  
20 programming on it, with DISH network logo on it on the image  
21 side.  
22 Q So the card was blue, and it had DISH network on it?  
23 A Yes.  
24 Q And different programming logos like HBO, Cinemax,  
25 Showtime --

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:48:48 1 A Like that. I don't remember exactly.  
2 Q On the back of that card was the microprocessor?  
3 A Yes.  
4 Q That Zvi Shkedy ripped out of the card and reverse  
5 engineered and you analyzed?  
6 A Like that one, yes.  
7 Q Once you had the card you had to reprogram the card in  
8 order to test the hack you developed?  
9 A Yes.  
10 Q And you built a device to reprogram Echostar's access  
11 card, correct -- actually, you modified the sniffer that you  
12 had already developed?  
13 A Yes.  
14 Q With some of the software that you had developed?  
15 A Yes.  
16 Q So you took Echostar's card -- let me back up. It was  
17 Echostar's card at the time, right? It said "DISH Network"  
18 on it?  
19 A It was DISH Network I believe.  
20 Q You take Echostar card and you reprogram it with the  
21 sniffer device that the defendants developed?  
22 A Yes.  
23 Q And then you put the card into the receiver, correct?  
24 A Yes.  
25 Q Did it work?

SHARON SEFFENS, U.S. COURT REPORTER

♀

16:49:48 1 A Yes.  
2 Q Initially or did you have to take a couple of steps?  
3 A The reception signal was not sufficient to establish  
4 the reception of the satellite signal -- I'm sorry. From  
5 the beginning, because we used not the original DISH network  
6 satellite DISH, the strength of the signal that we managed  
7 to acquire by this -- receive by this satellite DISH was not

April 10, 2008 Volume 4 Mordinson.txt  
8 sufficient to establish a satellite system.

9 The problem was that the LNB that you see in the  
10 presentation of the block in the front of the DISH of the  
11 antenna -- this block was not suitable for receiving a  
12 signal from a DISH network satellite, so we had to somehow  
13 acquire or to buy this suitable LNB, or low noise block,  
14 which will give us enough strength of the signal.

15 Q The LNB that you were using initially was for a Bell  
16 Express View system?

17 A Yes.

18 Q So you tried to hack. It didn't work. Then you  
19 sent -- Zvi Shkedy went back into the United States to get  
20 an LNB that would work for Echostar's system?

21 A Yes.

22 Q He brings that back across the border to Canada, and  
23 you hook up that up to the DISH?

24 A Yes.

25 Q Then you test your hack again?

SHARON SEFFENS, U.S. COURT REPORTER

♀

39

16:51:33 1 A Actually we didn't test the hack before. We didn't  
2 manage to establish the satellite system. The reception was  
3 very bad. Therefore, that was the reason to obtain the LNB  
4 or the part of antenna which was suitable for such a system,  
5 and once we obtained the low noise block suitable antenna,  
6 we tested the card.

7 Q Once you put the Echostar LNB onto the satellite DISH,  
8 you were able to successfully test the hack that you  
9 developed?

10 A Yes.

11 Q It opened up all of Echostar's channels?

12 A Yes.

13 Q And you knew that because you looked at the EPG, the  
14 Electronic Programming Guide?

15 A Yes.

16 Q So the hack that you developed on behalf of the

17 defendants for Echostar's security system was a success?

18 A Yes.

19 Q Let's back up for just a second. You mentioned  
20 programming a minute ago.

21 You understand as part of your work for NDS that the  
22 programming content is scrambled because it's copyrighted?

23 A Yes.

24 Q And NDS or DirectTV just like Echostar is required to  
25 take certain steps in order to protect that copyrighted

SHARON SEFFENS, U.S. COURT REPORTER

♀

40

16:53:06 1 programming, correct?

2 A I understand that, yes.

3 Q And one of those steps is to develop and utilize these  
4 Conditional Access Systems?

5 A Yes.

6 Q You understand that the copyrighted programming for  
7 Echostar and for DirectTV is sent out to its subscribers  
8 across the United States?

9 A Yes.

10 Q And those subscribers pay a monthly fee for that  
11 programming?

12 A I believe so.

13 Q As you understand it?

14 A Yes.

15 Q So if the hack that you developed for the defendants  
16 and successfully tested in Canada with Zvi Shkedy were to  
17 get out into the pirate community, that would allow them to  
18 steal Echostar's copyrighted programming, correct?

19 A Hypothetically speaking, yes.

20 Q Well, we don't have to talk about hypothetically,  
21 though, because you know that there was a hack methodology  
22 posted on the Internet on a pirate website in December of  
23 2000?

24 A I got this knowledge in the summer of last year, yes.

25 Q You took a look at that methodology?

SHARON SEFFENS, U.S. COURT REPORTER

41

16:54:26 1 A Yes.

2 Q You took a look at the instructions to hack Echostar's  
3 system that was posted on the Internet?

4 A Can you be specific when?

5 Q You certainly looked at before you gave sworn  
6 deposition testimony in this case?

7 A Yes.

8 Q And you agreed with me at your deposition that there  
9 were certain fundamental similarities between the hack that  
10 was posted on the Internet and the hack that you developed  
11 on behalf of the defendants, correct?

12 A They use the same -- they utilize the same weaknesses  
13 and vulnerabilities, yes.

14 Q They use both hacks, the -- we will call the one on the  
15 Internet the Nipper hack because you understood that that  
16 was the alias they posted it?

17 A Can you repeat, please?

18 Q Your understanding of the hack that was posted on the  
19 Internet was posted under an alias Nipper, right?

20 A I don't remember that. It was represented to me in  
21 August last year, 2007, during preparation for my  
22 deposition. I didn't pay attention what was the name or  
23 alias of the person who posted it.

24 Q You are familiar with the name Nipper? You have heard  
25 that term before?

SHARON SEFFENS, U.S. COURT REPORTER

42

16:56:01 1 A Yes.

2 Q In fact, you discovered the term "Nipper" embedded  
3 inside Echostar's code that was pulled out of the



4 microcontroller?

5 A I found this name in Echostar's EEPROM, yes.

6 Q In Echostar's code that you pulled out of the  
7 microcontroller?

8 A Yes.

9 Q Now, you agreed with me at your deposition that the  
10 hack that was posted on the Internet and the hack that you  
11 developed for the defendants both used the IO buffer  
12 overflow method of attack, correct?

13 A Yes.

14 Q You also agreed that both of those hack methodologies  
15 exploit the RAM ghost effect or address-aliasing  
16 characteristic of Echostar's system?

17 A Yes.

18 Q And that was an inherent characteristic that you  
19 discovered through your work on the Headend Project?

20 A I discover this, yes.

21 Q You also agree that both of those hacking methodologies  
22 use the ability to execute code in RAM in order to work,  
23 correct?

24 A Yes.

25 Q After you tested the hack that you developed for

SHARON SEFFENS, U.S. COURT REPORTER

♀

43

16:57:40 1 Echostar's system, you went back over to NDS's office in  
2 Israel?

3 A Yes.

4 Q And you created a report, correct?

5 A Yes.

6 Q And you called that the Headend Report?

7 A Yes.

8 Q In that report, you described in specific detail the  
9 steps that you took to hack Echostar's security system?

10 A Yes.

11 Q You also you also described the IO buffer overflow, the  
12 RAM ghost effect?

13 A Yes.

14 Q And the ability to execute code in RAM, qualities of  
15 the card that you had discovered, correct?

16 A Yes.

17 Q And then you distributed this report to people within  
18 the Haifa Research Team?

19 A Correct.

20 MR. HAGAN: Your Honor it's 5:00. I am at a  
21 stopping point in the cross-examination. If the jury wants  
22 to go home, we can conclude for the day.

23 THE COURT: All right.

24 \* \* \*

25

SHARON SEFFENS, U.S. COURT REPORTER

♀

44

16:58:43 1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20

21  
22  
23  
24  
25

SHARON SEFFENS, U.S. COURT REPORTER

♀

45

16:58:43 1

-oOo-

2

3

CERTIFICATE

4

5

I hereby certify that pursuant to Section 753,  
Title 28, United States Code, the foregoing is a true and  
correct transcript of the stenographically reported  
proceedings held in the above-entitled matter and that the  
transcript page format is in conformance with the  
regulations of the Judicial Conference of the United States.

11

12 Date: April 10, 2008

13

14

Sharon A. Seffens                      4-10-08  
\_\_\_\_\_  
SHARON A. SEFFENS, U.S. COURT REPORTER

15

16

17

18

19

20

21

22

23

24

25

SHARON SEFFENS, U.S. COURT REPORTER

♀

46

16:58:43 1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

SHARON SEFFENS, U.S. COURT REPORTER

♀